

1 JUDGE STEINBERG: Right.

2 THE WITNESS: That's correct, yes.

3 JUDGE STEINBERG: So, that your test when you did  
4 something on 951, the sound in your ear should not have  
5 diminished?

6 THE WITNESS: Should not have, correct, if the  
7 translator was operating legally.

8 JUDGE STEINBERG: If the signal was -- let's not  
9 get into legally and illegally.

10 THE WITNESS: Okay.

11 JUDGE STEINBERG: If the signal was being received  
12 in the basement and up through a wire and retransmitted,  
13 then what you did on 951 should not have affected that  
14 signal one wit?

15 THE WITNESS: That's correct.

16 JUDGE STEINBERG: Now, you don't like that answer,  
17 so have at it.

18 MR. NAFTALIN: No, that's fine.

19 BY MR. NAFTALIN:

20 Q Let me follow up on that, Mr. Loginow. When you  
21 generated your signal on 951 MHz and you could hear a  
22 reaction to that on 103.1 MHz, your signal blanketed the  
23 transmissions on 951, is that right?

24 A Yes.

25 Q It would have interrupted all transmissions on 951

1 for you to be able to hear it, isn't that right?

2 A Could you repeat the question?

3 Q Sure. When you sent out your signal, when your  
4 signal generators sent out a signal on 951 MHz, it  
5 interrupted or blanketed, either one, the transmissions on  
6 the microwave?

7 A Yes.

8 Q Did you, during the course of your investigations,  
9 inspect any other, all the floors of the Mediterranean  
10 Towers? Did you look all around it, sir, enter all the  
11 rooms and look at all the floors?

12 A On May 15?

13 Q On May 15?

14 A No.

15 Q Did you look in all the rooms, look at all the  
16 floors in the Mediterranean Towers ever?

17 A Well, Mr. Turro showed me the transmitter on two  
18 occasions.

19 Q Sure, oh, I understand that. We'll get to that  
20 shortly. But, at any time, have you done a thorough and  
21 complete inspection of all floor and all rooms in the  
22 Mediterranean Towers?

23 A No, that would not be necessary.

24 Q Your answer is no?

25 A That's correct, no.

1           Q     So, you have no knowledge personally as to what  
2 materials, equipment or anything else that can be located on  
3 that 25 stories that could theoretically interrupt or shield  
4 your signal generator signals?

5           A     Yes, that's correct.

6           Q     Okay, I think we established a minute ago that  
7 your next investigation was July 31, 1995?

8           A     Yes.

9           Q     Now, on July 31, 1995, you'd been assigned the  
10 task to determine whether the microwave station had been  
11 turned off, is that right?

12          A     That's correct.

13          Q     On the subject of the microwave, Mr. Loginow,  
14 going back, and I apologize, but on April 14, 1995, when you  
15 did your testing, you also had observed that the microwave  
16 was in operation, hadn't you, sir?

17          A     Yes, that's correct.

18          Q     Microwave is in operation on April 14, 1995, the  
19 day the translators passed the test?

20          A     Yes, that's correct.

21          Q     The microwave was in operation on May 15, 1995, as  
22 well? You observed that as well, is that correct?

23          A     Yes, that's correct.

24          Q     Now, you returned to the scene May 31, 1995 and  
25 the microwave is now off?

1 A That's correct.

2 JUDGE STEINBERG: July 31.

3 MR. NAFTALIN: I'm sorry.

4 BY MR. NAFTALIN:

5 Q July 31, 1995, the microwave is now off, is that  
6 correct?

7 A That's correct.

8 Q Now, you did not go to the Fort Lee translator or  
9 the Pomona translator and inspect them or observe them on  
10 July 31, 1995, did you, sir?

11 A No, no, I did not.

12 Q Were you in another FCC vehicle on that day?

13 A Yes.

14 Q Were you in another FCC vehicle on that day?

15 A Yes.

16 Q You tuned in the 951 MHz frequency and found  
17 nothing doing?

18 A That's correct.

19 Q You also, is it true, you listened to the Fort Lee  
20 translator on 103.1?

21 A Yes.

22 Q You found the signal quality to be very high that  
23 day?

24 A Yes, I did.

25 Q From hearing that high quality signal, you assumed

1     that the programming was being provided from the Dumont  
2     studio to the Fort Lee translator by some mechanism other  
3     than over the air reception?

4             A     Yes.

5             Q     Did you go and look for some other mechanism to be  
6     providing that programming?

7             A     No, no, I did not.

8             Q     So, is your conclusion about that in your e-mail  
9     dated August 1, 1995, which is also an attachment to your  
10    statement? It is Bates stamped from Mass Media Bureau  
11    Exhibit 16, Bates stamp 259. I think you'll find it's the  
12    second to the last page attached to your statement.

13            JUDGE STEINBERG: It's Bureau Exhibit 16,  
14    Attachment B, page 259.

15            THE WITNESS: Yes, that's my e-mail.

16            BY MR. NAFTALIN:

17            Q     When you say in your August 1, 1995 e-mail,  
18    "Signal input was not off the air from a New York  
19    translator," that's because the audio quality on 103.1 just  
20    sounded too good?

21            A     That's correct, in comparison to my visit during  
22    April.

23            Q     So, your comparison between what you heard in  
24    April and what you heard on July 31, 1995 led you to  
25    conclude that the translator was probably receiving

1 programming from some means other than off the air  
2 reception?

3 A Yes, that's correct. Also, may I add one other  
4 date? That would be the May 15 date.

5 Q Was audio quality high on May 15, 1995?

6 A Yes, it was.

7 Q Comparable to what you were hearing July 31, 1995?

8 A Yes.

9 Q Again, a question about your August 1, 1995 e-  
10 mail. You wrote this up and sent it the day after you  
11 listened to the station on July 31, 1995, correct?

12 A Yes.

13 Q You sent it to members of the Wireless Bureau and  
14 the Mass Media Bureau staff, isn't that right?

15 A Correct.

16 Q You provided that information to other members of  
17 the FCC as well, didn't you, Mr. Loginow?

18 A No.

19 Q Mr. Zimney didn't get this information?

20 A This information? No, he did not receive this  
21 information.

22 Q You didn't tell him about it, sir?

23 A I told him that I did additional work on the Gerry  
24 Turro case.

25 Q I understand you're saying you didn't give Mr.

1 Zimney the e-mail, is that right?

2 A That's correct.

3 Q But, you told him the substance of the e-mail?

4 A No, I said that I did additional work on the Gerry  
5 Turro case. That was the investigation for the day.

6 JUDGE STEINBERG: Who is Mr. Zimney?

7 THE WITNESS: Mr. Alexander Zimney is my immediate  
8 supervisor. He's the engineer in charge of the New York  
9 office.

10 BY MR. NAFTALIN:

11 Q Mr. Loginow, your next investigation --

12 JUDGE STEINBERG: Are you finished with July 31?

13 MR. NAFTALIN: Yes, I am.

14 JUDGE STEINBERG: Now, again, page 259. You did  
15 no testing on July 31?

16 THE WITNESS: That's correct.

17 JUDGE STEINBERG: You formed your conclusion on  
18 the basis of what you heard?

19 THE WITNESS: That's right.

20 JUDGE STEINBERG: Now, comparing it with what you  
21 heard on May 15, excuse me, April, comparing it with the  
22 April.

23 THE WITNESS: April and May.

24 JUDGE STEINBERG: The May observation is not  
25 reported in Attachment B, on page 259, is it?

1 THE WITNESS: Well, the May 15 is the last  
2 paragraph of the 255.

3 JUDGE STEINBERG: No, I'm talking, look at page  
4 259.

5 THE WITNESS: Okay.

6 JUDGE STEINBERG: The last sentence of it says,  
7 "The audio quality had been observed during April, 1995."

8 THE WITNESS: Oh, I see.

9 JUDGE STEINBERG: Do you see?

10 THE WITNESS: That's correct, I don't make  
11 reference to May.

12 JUDGE STEINBERG: You don't make reference to May.  
13 But, isn't it true that in April of 1995, that the power of  
14 the Monticello transmitter had been reduced --

15 THE WITNESS: Yes.

16 JUDGE STEINBERG: -- because of a lightening  
17 strike? That's a yes?

18 THE WITNESS: Yes.

19 JUDGE STEINBERG: If the power to the Monticello  
20 transmitter had been reduced due to lightening strike,  
21 wouldn't the signal coming into Fort Lee over the air also  
22 be reduced?

23 THE WITNESS: It would be the signal coming into  
24 the other translator in New York, and that would be a higher  
25 quality signal coming into Fort Lee then, yes.



1 JUDGE STEINBERG: If --

2 THE WITNESS: If, indeed, that was the circuit  
3 being used.

4 JUDGE STEINBERG: Okay. In April of 1995, you  
5 looked at the Monticello transmitter and you saw the little  
6 meter needle at a lower level than it should be, so you  
7 concluded that it was, if the meter was correct, they were  
8 transmitting at a lower power?

9 THE WITNESS: Correct.

10 JUDGE STEINBERG: If he's transmitting at a lower  
11 power, then how could the signal be received at Fort Lee be  
12 stronger than if he's transmitting at full power? You see  
13 where I'm getting?

14 THE WITNESS: Right, the quality would be better.

15 JUDGE STEINBERG: So, if he's transmitting from  
16 Monticello at full power and wouldn't the sound, in August  
17 or even in May during the second observation, wouldn't the  
18 thing that you heard in your ears be better than it was in  
19 April?

20 THE WITNESS: Yes, yes, it would. It would be  
21 better.

22 JUDGE STEINBERG: So, the fact that it was a  
23 better signal might be attributable to the fact that they  
24 fixed the thing, they fixed the transmitter and it was  
25 operating at full power?

1 THE WITNESS: That's correct, that's also  
2 possible, yes.

3 JUDGE STEINBERG: Surprised you missed that.

4 MR. NAFTALIN: Thank you.

5 BY MR. NAFTALIN:

6 Q Mr. Loginow, on April 2, 1995, you returned to  
7 Mediterranean Towers in Fort Lee, didn't you, sir?

8 A Yes, I did.

9 Q You called Mr. Turro and told him you wanted to be  
10 let in so you could inspect the facility, isn't that right?

11 A That's correct.

12 Q You hadn't called him in advance to let him know  
13 you were inspecting?

14 A No.

15 Q You just called him up on the spot and said,  
16 please let me in?

17 A Yes.

18 Q Mr. Turro came by not too long thereafter and let  
19 you inspect the facility, is that right?

20 A Yes, that's correct.

21 Q He cooperated with you fully, sir, and let you  
22 inspect as you saw fit, is that right?

23 A Yes, that's correct.

24 Q At that time, you were again hearing high quality  
25 audio on 103.1 MHz?

1           A     Let's see. Did I make a sound judgement on that  
2     day?

3           JUDGE STEINBERG: What was the date of this?

4           MR. NAFTALIN: This is August 2, 1995.

5           JUDGE STEINBERG: Okay, I think you said April.

6           MR. NAFTALIN: Oh, I'm sorry, August 2, 1995.

7           JUDGE STEINBERG: Did you hear April or did you  
8     hear August?

9           THE WITNESS: I think I heard August.

10          JUDGE STEINBERG: Okay, so your answers were as of  
11     August? So, we don't have to redo all that?

12          THE WITNESS: Yes, I say the audio quality was  
13     very high.

14          BY MR. NAFTALIN:

15          Q     Where do you say that, sir?

16          A     In my second sentence of the August 4 electronic -

17     -

18          JUDGE STEINBERG: This is page 260.

19          MR. NAFTALIN: Right.

20          BY MR. NAFTALIN:

21          Q     It's August 2, 1995 and Mr. Turro has let you see  
22     all of the Fort Lee facilities that you chose to see, is  
23     that correct?

24          A     That's correct.

25          Q     Was that the first day you had a chance to make a

1 thorough inspection of the Fort Lee translator's facilities?

2 A As much as we were inspecting for that day. I did  
3 not make a thorough inspection, but as much as we were  
4 seeking for that day.

5 Q Was that the first day you got a good look at the  
6 transmitter, the electronics, the power source, the  
7 receivers of the Fort Lee translator?

8 A Yes.

9 Q Did you observe any means of program delivery to  
10 the Fort Lee translator, other than reception off the air?

11 A At that time, no.

12 Q You did, in fact, observe that the Fort Lee  
13 translator was receiving Jukebox Radio programming off the  
14 air, isn't that right?

15 A Yes, that's correct.

16 Q In fact, you tested it at that time to confirm to  
17 yourself that the Fort Lee translator was receiving Jukebox  
18 programming off the air, isn't that right?

19 A Yes, that's correct.

20 Q Your testing is described in your e-mail which is  
21 dated August 4, 1995, Mass Media Bureau Exhibit 16, page  
22 260?

23 A Yes.

24 Q So, once again, the Fort Lee translator passed  
25 that test that day, isn't that right?

1 A It passed the test on that day, yes.

2 Q Thank you. While you were there, Mr. Loginow, did  
3 you go out on the roof and look at antennas?

4 A Yes, yes, we did.

5 Q Did you, while you were up there, see any  
6 alternate means for program delivery to the Fort Lee  
7 translator, other than reception off the air?

8 A No.

9 JUDGE STEINBERG: The microwave wasn't there?

10 THE WITNESS: Well, actually, there are so many  
11 antennas up there, it's hard to determine exactly what --  
12 certainly other -- it could have been alternate means of  
13 program delivery, but none that I observed.

14 BY MR. NAFTALIN:

15 Q The microwave had been inactive when you tested  
16 three days before on July 31, 1995?

17 A Right.

18 Q You didn't repeat your microwave testing procedure  
19 on that day, on August 2, 1995?

20 A No.

21 Q You didn't?

22 A No.

23 Q While you were on the roof of the Mediterranean  
24 Towers, Mr. Loginow, did Mr. Turro invite you to listen to  
25 the Monticello station transmissions on 99.7 MHz?

1 A Yes, he did.

2 Q Did you do so, sir?

3 A Sure did.

4 Q Isn't it true, as your August 4, 1995 e-mail says,  
5 that you were able to hear moderately good audio directly  
6 from the Monticello station?

7 A That's correct.

8 Q That was standing out there on the roof of the  
9 Mediterranean Towers?

10 A Yes.

11 Q Listening in on a radio?

12 A Well, the receiving antennae was on the roof. The  
13 actual receiver was down below, if I'm not mistaken.

14 JUDGE STEINBERG: The receiver was where?

15 THE WITNESS: Down below. You know --

16 JUDGE STEINBERG: In the basement?

17 THE WITNESS: No, I'm sorry, two floors down.

18 BY MR. NAFTALIN:

19 Q Mr. Loginow, is it correct that the receivers and  
20 the electronics for the Fort Lee translator were about two  
21 floors below the roof lock up and small equipment room?

22 A Yes, that's correct, the actual translator station  
23 for equipment, yes, two floors below.

24 Q So, there must have been wire connections between  
25 all of the electronics two stories down and the antennas and

1     what not on the roof?

2             A     That's correct.

3             JUDGE STEINBERG:  You didn't go down in the  
4     basement on August 4?

5             THE WITNESS:  No, we did not.

6             BY MR. NAFTALIN:

7             Q     August 2?

8             A     Oh, I'm sorry, August 2.

9             JUDGE STEINBERG:  Well, the 4th --

10            THE WITNESS:  The 4th is the date of my e-mail.

11            MR. NAFTALIN:  The 4th is just the date of e-mail.

12            JUDGE STEINBERG:  Oh, okay.  Got you.

13            BY MR. NAFTALIN:

14            Q     Now, as part of the testing you conducted at the  
15     Fort Lee translator on August 2, 1995, you listened in on  
16     103.1 MHz and you directed Mr. Turro to turn off the  
17     receiver and so you heard the Jukebox Radio programming  
18     cease on 103.1, is that correct?

19            A     That's correct.

20            Q     That was the testing of the Fort Lee translator  
21     passed on that day?

22            A     Correct.

23            JUDGE STEINBERG:  Wait a minute.  You were up on  
24     the roof with Mr. Turro?

25            THE WITNESS:  On August 2.

1 JUDGE STEINBERG: On August 2. Did Mr. Turro  
2 telephone the Dumont studios and say, turn the thing off?

3 THE WITNESS: No, this was an alternate way of  
4 testing. We were two floors below in the equipment room and  
5 he had an FM receiver tuned to Pomona, and he turned the  
6 volume down.

7 JUDGE STEINBERG: Turned the volume down?

8 THE WITNESS: On the input.

9 JUDGE STEINBERG: On the input?

10 THE WITNESS: Correct.

11 JUDGE STEINBERG: That caused the programming that  
12 you were listening to --

13 THE WITNESS: To drop out.

14 JUDGE STEINBERG: To drop out?

15 THE WITNESS: Silence.

16 JUDGE STEINBERG: Did you hear the white noise, or  
17 just total silence?

18 THE WITNESS: It was just total silence.

19 JUDGE STEINBERG: Then he cranked it up again or  
20 increased whatever you increase?

21 THE WITNESS: Right.

22 JUDGE STEINBERG: What's some knob that he turned?

23 THE WITNESS: Right, it's the volume control on  
24 the receiver.

25 JUDGE STEINBERG: Volume control, okay. I told



1     you I was having trouble with this.

2                   BY MR. NAFTALIN:

3           Q     Let's pin down the testing.  You were in the  
4     equipment room that housed the guts of the Fort Lee  
5     translator, right?

6           A     Yes.

7           Q     You directed Mr. Turro to go to the receiver that  
8     was there that was receiving or was receiving programming  
9     from the Pomona translator, correct?

10          A     That's correct.

11          Q     While you listened on headphones, something like a  
12     Walkman --

13          A     Yes.

14          Q     You have a Walkman, you're listening to 103.1, you  
15     watch Mr. Turro turn down the receiver in the equipment  
16     room, right?

17          A     That's correct.

18          Q     When that happened, you heard simultaneously the  
19     programming on 103.1 also go down?

20          A     That's correct.

21          Q     After you concluded your inspections and your  
22     testing on August 2, 1995 at the Fort Lee translator, did  
23     Mr. Turro offer to take you to the Pomona translator and let  
24     you inspect there as well?

25          A     Yes, he did.

1           Q     The two of you got in his car and drove to the  
2     Pomona translator, is that right?

3           A     Yes, we did.

4           Q     Did you inspect the Pomona translator, sir?

5           A     Yes.

6           Q     Did Mr. Turro cooperate with you fully?

7           A     Yes, he did.

8           Q     You had an opportunity to inspect as much as you  
9     saw fit?

10          A     Correct.

11          Q     Did you observe any means of program delivery to  
12     the Pomona translator other than off the air reception?

13          A     None that I observed, no.

14          Q     Did you also do some testing at the Pomona  
15     translator on August 2, 1995?

16          A     Yes, that's correct.

17          Q     Did you more or less reproduce the testing you'd  
18     done earlier that day at the Fort Lee translator?

19          A     Right. Similar test.

20          Q     Very similar test. Again, you had a Walkman or a  
21     listening device of some kind, and you listened to 103.1?

22          A     We were listening to 94.3.

23          Q     Okay, so you listened to the Pomona output on  
24     94.3, correct?

25          A     Correct.

1 Q You had Mr. Turro again tune down a receiver?

2 A Yes.

3 Q The receiver that was bringing in the programming  
4 at Pomona translator?

5 A Right.

6 Q When he tuned that down, you listened to the  
7 programming on 94.3 tuned down, as well?

8 A Right.

9 Q Okay. Then, he tuned it back up and you heard it  
10 go back up?

11 A Right.

12 JUDGE STEINBERG: Does the range of the Fort Lee  
13 translator carry up to Pomona?

14 THE WITNESS: If you have a good antennae, it  
15 does. I was not able to receive Fort Lee on my Walkman.

16 MR. NAFTALIN: I'm sorry, I missed that.

17 JUDGE STEINBERG: Oh, I asked if the range -- did  
18 you hear my question?

19 MR. NAFTALIN: No, I didn't.

20 JUDGE STEINBERG: I asked if the Fort Lee  
21 translator would cover Pomona, and I think the answer was if  
22 you had a good --

23 THE WITNESS: A good antenna.

24 JUDGE STEINBERG: -- antennae. Then, Mr. Loginow  
25 stated that he could not receive the Fort Lee translator in

1 Pomona on his Walkman.

2 MR. NAFTALIN: Okay.

3 JUDGE STEINBERG: Is that correct?

4 THE WITNESS: That's correct.

5 BY MR. NAFTALIN:

6 Q But, the testing, when you tested the Pomona  
7 translator on August 2, 1995, it passed your test?

8 A Yes, it did.

9 Q So, at that point, Mr. Turro drove you back to  
10 Fort Lee where your vehicle was?

11 A Yes.

12 Q The two of you parted company?

13 A Yes.

14 Q Now, is it correct, Mr. Loginow, that you  
15 conducted no further investigations until June 4, 1997?

16 A Yes, that's correct.

17 Q Now, you returned to Fort Lee and the  
18 Mediterranean Towers on June 4, 1997, because Mr. Aronowitz  
19 and Mr. Barone asked you to go up there, is that right?

20 A I forget exactly who directed the request, but it  
21 came from Mass Media Bureau.

22 Q Mass Media Bureau asked, and somehow through  
23 channels, you were sent out to Fort Lee again?

24 A Yes.

25 Q On June 4, 1997?

1           A     Yes.

2           Q     On June 4, 1997, you used a signal generator again  
3 and conducted similar tests as you had on May 15, 1995?

4           A     Yes, that's correct.

5           Q     Did you use the exact same signal generator?

6           A     We have several signal generators in our equipment  
7 line up. It may not have been the identical unit, but very  
8 similar.

9           Q     Would it have the same power specifications and  
10 that sort? Would it have operated in basically the same  
11 way?

12          A     Yes, yes.

13          Q     Did you use the same or very similar antennae on  
14 June 4, 1997 as you'd used on May 15, 1995?

15          A     Yes, yes, I did.

16          Q     Now, when you were testing on June 4, 1997, you  
17 actually went out on the roof itself, didn't you, sir?

18          A     Yeah, I guess I did.

19          Q     That was a difference from May 15, 1995?

20          A     Yes, that was different.

21          Q     Now --

22                 JUDGE STEINBERG: How did you get the key?

23                 THE WITNESS: Oh, I notified the doorman this time  
24 that I want to go on the roof.

25

1 BY MR. NAFTALIN:

2 Q So, you went out on the roof of the Mediterranean  
3 Towers on June 4, 1997 and you tested, you sent out a signal  
4 on 94.3 MHz, the Pomona translator's frequency, is that  
5 right?

6 A That's correct.

7 Q You heard a reaction from the Fort Lee translator  
8 on 103.1, isn't that right?

9 A That's correct.

10 Q So, on June 4, 1997, the Fort Lee translator  
11 passed the test?

12 A That's correct.

13 Q Did you otherwise inspect the facilities of the  
14 Fort Lee translator on June 4, 1997?

15 A No, that was locked up. No inspection.

16 Q So, you did your signal generation test and then  
17 you packed up and left on June 4?

18 A Correct.

19 Q You reported your results to Mr. Aronowitz and Mr.  
20 Barone?

21 A That's correct.

22 Q On that day?

23 A I don't -- yeah, or the next day.

24 Q So, August 2, 1995 was the only time you've  
25 testified to in this proceeding when you got a chance to

1 really go over the electronics and the facilities of the  
2 Fort Lee translator and the Pomona translator, isn't that  
3 true?

4 A Yes, that's correct.

5 Q Now, isn't it true you observed the use of filters  
6 at the Fort Lee translator?

7 A Yes.

8 JUDGE STEINBERG: What date?

9 BY MR. NAFTALIN:

10 Q The date you went through, this would be August 2,  
11 1995, you observed the translator was using filters?

12 A That's correct.

13 Q You don't personally know when those filters were  
14 installed, do you, Mr. Loginow?

15 A No.

16 Q Did you observe the use of filters at the Pomona  
17 translator on August 2, 1995?

18 A Yes.

19 Q Again, do you know when those filters were  
20 installed at Pomona?

21 A No, I do not.

22 JUDGE STEINBERG: What do the filters do?

23 THE WITNESS: They help bring in the signal  
24 clearer, because they eliminate stations that are higher and  
25 lower than the signal you're intending to receive.

1 JUDGE STEINBERG: What's that first and second  
2 adjacent interference signal stuff?

3 THE WITNESS: Yes.

4 BY MR. NAFTALIN:

5 Q Well, for example, a filter could be used to  
6 screen out a first adjacent channel which might otherwise  
7 interfere with the signal?

8 A Yes.

9 Q You've seen filters at other radio stations  
10 haven't you, Mr. Loginow?

11 A Sure, sure.

12 Q Very commonly used in the industry?

13 A Yes, very common.

14 Q In fact, it's true you've inspected personally  
15 several hundred AM and FM stations, isn't that right?

16 A That's correct.

17 Q You've seen filters in use at some fair number of  
18 those stations?

19 A Well, most stations don't need to receive, do most  
20 receiving, but at receiver sites, I have seen similar  
21 filters, yes.

22 Q They're commercially available products as far as  
23 you know, aren't they?

24 A Yes.

25 Q Now, you've inspected approximately five FM



1 translators during your career, is that right?

2 A That's correct.

3 Q Approximately?

4 A Yes.

5 Q Two of which were Mr. Turro's translator, the Fort  
6 Lee translator and the Pomona translator, right?

7 A Right, yes.

8 MR. NAFTALIN: Your Honor, I've covered Mr.  
9 Loginow's inspections at this point. I can go on from here,  
10 but also, this is a good stopping point if you'd like a  
11 stopping point.

12 JUDGE STEINBERG: Well, let's take a break. What  
13 remains?

14 MR. NAFTALIN: I'd like to examine Mr. Loginow on  
15 his statements and the interrogatory answers and admissions  
16 in this case and that will take some amount of time. But,  
17 since we're in between those two things, this seemed like a  
18 good time to break.

19 JUDGE STEINBERG: Let's take a ten minute break,  
20 then.

21 (Whereupon, a short recess was taken.)

22 JUDGE STEINBERG: Back on the record.

23 BY MR. NAFTALIN:

24 Q Mr. Loginow, would you take a look at Mass Media  
25 Bureau Exhibit 16, which is your statement dated the 21st of